

Gerald Nielsen

IN THE UNITED STATES DISTRICT COURT
FOR THE DISTRICT OF SOUTH CAROLINA
CHARLESTON DIVISION
IN ADMIRALTY

- - -

TIFFANY N. PROVENCE, as the	:	
Personal Representative of the	:	
Estate of Juan Antonia	:	
Villalobos Hernandez,	:	
	:	
Plaintiff,	:	
	:	Case No.
vs.	:	2:21-cv-965-RMG
	:	
UNITED STATES OF AMERICA,	:	
CROWLEY MARITIME CORPORATION,	:	
CROWLEY GOVERNMENT SERVICES,	:	
INC., DETYENS SHIPYARDS, INC.,	:	
and HIGHTRAK STAFFING, INC,	:	
d/b/a Hitrak Staffing, Inc.,	:	
	:	
Defendants.	:	
	:	

DEPOSITION OF GERALD NIELSEN

DATE TAKEN: Monday, April 11, 2022

TIME BEGAN: 10:00 a.m.

TIME ENDED: 5:20 p.m.

LOCATION: REMOTE PARTICIPATION DEPOSITION
(All parties participated via videoconference)

REPORTED BY: Mary Posey, Court Reporter
EveryWord, Inc.
P.O. Box 1459
Columbia, South Carolina 29202
803-212-0012

Gerald Nielsen

<p style="text-align: right;">Page 152</p> <p>1 hazards. And because this was specific to a drill</p> <p>2 ship -- I've got extensive experience working on</p> <p>3 drill ships -- I could talk very specifically about</p> <p>4 the different titles onboard and who would be</p> <p>5 responsible for inspections, maintenance, repair, et</p> <p>6 cetera.</p> <p>7 Q Okay. So beyond -- as I understand, that's</p> <p>8 the only case you've testified in?</p> <p>9 A Earlier in my career as an employee I have</p> <p>10 provided grand jury testimony but that was not as an</p> <p>11 expert.</p> <p>12 Q Have you had the opportunity to fully</p> <p>13 discuss the opinions that you formulated with respect</p> <p>14 to Detyens Shipyard and Hightrak Staffing?</p> <p>15 A With the understanding that I've not fully</p> <p>16 gone through those couple of pieces of documentation</p> <p>17 that were recently received, yes, you are correct.</p> <p>18 MR. HOOD: All right. Thank you. I have</p> <p>19 no other questions at this point. I'm sure Ryan</p> <p>20 will have some but I'll drop off so you can stop</p> <p>21 looking at me.</p> <p>22 MR. YOUNG: Hey, we've been going about an</p> <p>23 hour and a half. Can we take a quick break?</p> <p>24 THE WITNESS: Please.</p> <p>25 MR. GILSENEN: Yes.</p>	<p style="text-align: right;">Page 154</p> <p>1 I have to go back and restate that</p> <p>2 especially this one, the GTR, I have not reviewed in</p> <p>3 any level of detail. I skimmed through it quickly</p> <p>4 looking for items regarding work items, any wording</p> <p>5 regarding work items since my report does reference</p> <p>6 them, and I found that in the introduction. I</p> <p>7 believe, that is the Guide for MSC Work Item</p> <p>8 Preparation. That would be starting with Page</p> <p>9 Defendant's 1161. It talks about general, it talks</p> <p>10 about ambiguity, and it talks about writing, and it</p> <p>11 talks about regulatory body approvals, and the term</p> <p>12 that I believe I used at the beginning of the</p> <p>13 deposition, although I may be wrong -- I don't</p> <p>14 believe I used the word strength and I believe I used</p> <p>15 the word support -- I may be mistaken on that -- but</p> <p>16 I would look at this as how does it support the</p> <p>17 opinions that I've already come up with. And as</p> <p>18 we've discussed, I don't -- based on my review so</p> <p>19 far, I don't believe this generates new opinions, it</p> <p>20 just gives me, you know, potentially just something</p> <p>21 to reference in support of the existing things.</p> <p>22 Q Okay. Well, let me ask you to look at</p> <p>23 Vessel Defendants 1164.</p> <p>24 A Okay.</p> <p>25 Q Let me know when you are there.</p>
<p style="text-align: right;">Page 153</p> <p>1 MR. HOOD: Yes. That sounds good.</p> <p>2 - - -</p> <p>3 (Recess in the proceedings.)</p> <p>4 - - -</p> <p>5 EXAMINATION</p> <p>6 - - -</p> <p>7 BY MR. GILSENEN:</p> <p>8 Q Mr. Nielsen, Ryan Gilsenan. I represent</p> <p>9 the Crowley entities and the United States</p> <p>10 Government.</p> <p>11 I would like to circle back to the</p> <p>12 beginning of your deposition today where you</p> <p>13 mentioned two new documents that you've received</p> <p>14 since you issued your report. And the first one I'd</p> <p>15 like to have you look at and have you tell me about</p> <p>16 is the MSC General Technical Requirements, the GTR.</p> <p>17 A Yes.</p> <p>18 Q And I believe you said that this</p> <p>19 strengthened your opinions, words to that effect, and</p> <p>20 I would like to take a look at that and you can</p> <p>21 explain to me how.</p> <p>22 A Okay. Is that the question? Are you ready</p> <p>23 for --</p> <p>24 Q Yeah.</p> <p>25 A Okay. Sorry.</p>	<p style="text-align: right;">Page 155</p> <p>1 A I'm there.</p> <p>2 Q Okay. Item 3.6, Guidance Level. It says,</p> <p>3 work items are performance granted, the shipyard</p> <p>4 determines how to do the work.</p> <p>5 A Okay.</p> <p>6 Q All right. That seems pretty clear to me</p> <p>7 that the shipyard determines how to do the work.</p> <p>8 What's your understanding of that?</p> <p>9 A That sentence as you've just read it to me</p> <p>10 is pretty straightforward. I would like to read</p> <p>11 what's around it before going too far. I don't want</p> <p>12 to create or alter an opinion as we talk. That is --</p> <p>13 it's definitely going to be an important statement.</p> <p>14 I don't know if there are other sections, you know,</p> <p>15 similar to -- let's say looking at CFR's where</p> <p>16 there's a very solid statement, but then when you go</p> <p>17 to the preceding section, it says except when.</p> <p>18 So I agree with you that as it is written,</p> <p>19 that's a strong statement. I would have to make sure</p> <p>20 that I'm understanding it fully in context.</p> <p>21 Q Okay. But as we sit here today, you have</p> <p>22 nothing to contradict that. Is that a fair</p> <p>23 statement?</p> <p>24 A Nothing comes to mind from anything that</p> <p>25 I've already looked at.</p>

Gerald Nielsen

<p>Page 156</p> <p>1 Q Okay. All right.</p> <p>2 And next I'd like to direct your attention</p> <p>3 to Vessel Defendants 960. It says, The Repair</p> <p>4 Specification. Or part of it, I should say.</p> <p>5 A Oh. A different document.</p> <p>6 Q Yes, sir.</p> <p>7 A Okay. I'm in the document. Just bear with</p> <p>8 me while I get to that page.</p> <p>9 Q Sure.</p> <p>10 MR. GILSENAN: Off the record a minute.</p> <p>11 - - -</p> <p>12 (Off the record.)</p> <p>13 - - -</p> <p>14 BY MR. GILSENAN:</p> <p>15 Q Mr. Nielsen, are you there, at 960?</p> <p>16 A Yes, sir.</p> <p>17 Q Okay. Do you see the header? This is the</p> <p>18 USNS Lummus General Requirements, Item Number 1,</p> <p>19 Definition and General Requirements. That's what</p> <p>20 this document is.</p> <p>21 A The copy that I have does not have a</p> <p>22 heading on it. It starts with 1.0 Abstract on that</p> <p>23 specific page.</p> <p>24 Q Okay. There was a -- I sent out --</p> <p>25 MR. GILSENAN: Let's just go back off the</p>	<p>Page 158</p> <p>1 Q Okay. You agree the contractor is Detyens</p> <p>2 Shipyard?</p> <p>3 A Correct.</p> <p>4 Q And do you see that the contractor is</p> <p>5 responsible for rigging?</p> <p>6 A Rig, unrig, connect. Yes.</p> <p>7 Q Okay. So it's clear on whose</p> <p>8 responsibility to you the rigging of the davit arms</p> <p>9 is?</p> <p>10 A The only hesitation I have with agreeing</p> <p>11 fully with you is that it's specific to replace any</p> <p>12 interference. And again, it's just a matter of</p> <p>13 making sure that I understand -- make sure that</p> <p>14 interference isn't defined somewhere else as</p> <p>15 something that's outside of the scope of what you're</p> <p>16 working on.</p> <p>17 You know, the davit arms, I would refer to</p> <p>18 them as -- I'm sorry.</p> <p>19 The boats, I wouldn't consider them as</p> <p>20 interference, they're part of the work item, but if</p> <p>21 there was a structure in the way that needed to be</p> <p>22 removed, I would consider that interference.</p> <p>23 So yes, that's an important statement and I</p> <p>24 need to make sure that I'm understanding what</p> <p>25 interference means. If interference includes the</p>
<p>Page 157</p> <p>1 record.</p> <p>2 - - -</p> <p>3 (Off the record.)</p> <p>4 - - -</p> <p>5 MR. GILSENAN: Okay. And we'll mark this</p> <p>6 an exhibit as well, whatever number we would be</p> <p>7 up to.</p> <p>8 (USNS Lummus General Requirements,</p> <p>9 Item No. 1 dated 3/8/18 marked Defendants'</p> <p>10 Exhibit Number 4 for identification.)</p> <p>11 BY MR. GILSENAN:</p> <p>12 Q Okay. Mr. Nielsen, 3.3 at Vessel</p> <p>13 Defendants 960 --</p> <p>14 A Yes.</p> <p>15 Q -- it says, the contractor will provide all</p> <p>16 labor and materials necessary to accomplish all items</p> <p>17 in the work package. The contractor will rig, unrig,</p> <p>18 connect and disconnect, stage, un-stage, and remove</p> <p>19 and replace any interference as required to</p> <p>20 accomplish each item of the work package.</p> <p>21 A Okay.</p> <p>22 Q Have you seen that before in your review of</p> <p>23 documents?</p> <p>24 A I do not recall that specific word-for-word</p> <p>25 but I did review this document early on.</p>	<p>Page 159</p> <p>1 items in the work pack, then it does state that the</p> <p>2 contractor will provide as you read it.</p> <p>3 Q Well, I read interference as related to</p> <p>4 removing and replacing that interference, but rigging</p> <p>5 and unrigging is general and applies to everything</p> <p>6 that requires rigging.</p> <p>7 A I believe -- I believe that is the way that</p> <p>8 that is intended.</p> <p>9 You know, again, since I -- I'm looking at</p> <p>10 a document for the first time in several months. I</p> <p>11 believe that your reading of that is correct, but I</p> <p>12 would like to --</p> <p>13 Q Have you seen any --</p> <p>14 A -- be able to verify it.</p> <p>15 Q In your review of the documents, have you</p> <p>16 seen any documents that state that the vessel owner</p> <p>17 or operator is responsible for rigging in the</p> <p>18 shipyard?</p> <p>19 A No.</p> <p>20 Q You've not.</p> <p>21 So you'd agree that Detyens was responsible</p> <p>22 for rigging the lifeboat davit arms.</p> <p>23 A Based on this section of the document that</p> <p>24 we're looking at, yes.</p> <p>25 Q Okay. And how about based also on</p>

Gerald Nielsen

<p style="text-align: right;">Page 160</p> <p>1 everything else that you've reviewed? Have you seen</p> <p>2 anything to tell you that Crowley or the United</p> <p>3 States was responsible for rigging the davit arms?</p> <p>4 A And I'll apologize for the delay, I just --</p> <p>5 you know, I would rather error on the side of being</p> <p>6 deliberate and not --</p> <p>7 Q Take your time.</p> <p>8 A -- yeah -- not give an answer that I've got</p> <p>9 and then walk back.</p> <p>10 No. I was looking at the -- no. I agree</p> <p>11 with your statement. I do not have anything that</p> <p>12 says that Crowley would be responsible for the</p> <p>13 rigging, if that's specific to your question.</p> <p>14 Q Okay. Thank you.</p> <p>15 Let's turn to Vessel Defendants 967 in the</p> <p>16 same exhibit.</p> <p>17 A Okay.</p> <p>18 Q Okay. Section 5.3 says, the contractor and</p> <p>19 all subcontractors, regardless of tier, must consult</p> <p>20 the General Technical Requirements (GTR) to determine</p> <p>21 applicability to this work item. In performance of</p> <p>22 this work, the contractor and all subcontractors,</p> <p>23 regardless of tier, must comply with the requirements</p> <p>24 of all applicable GTR's.</p> <p>25 We discussed the GTR in Exhibit 2 about</p>	<p style="text-align: right;">Page 162</p> <p>1 GTR is incorporated into this repair spec through</p> <p>2 Section 5.3.</p> <p>3 A As of this moment I have nothing to object</p> <p>4 to. But the GTR does also have guidance on wording</p> <p>5 ambiguities. I will, out of -- you know, just doing</p> <p>6 it the proper way, I will need to make sure that</p> <p>7 there are no contradictions or there is not a clause</p> <p>8 in there that says except when.</p> <p>9 Q Okay.</p> <p>10 A But, you know --</p> <p>11 Q Well, let's talk about ambiguities.</p> <p>12 Can I refer you to --</p> <p>13 MR. YOUNG: Ryan, you've got to let him</p> <p>14 finish. I think he wasn't finished with his</p> <p>15 answer.</p> <p>16 MR. GILSENAN: Oh. Okay.</p> <p>17 BY MR. GILSENAN:</p> <p>18 Q My apologies.</p> <p>19 A No, that's Okay. I was wrapping up. And</p> <p>20 as we've already seen today, I sometimes take an</p> <p>21 extra sentence to wrap up so my apologies.</p> <p>22 Q That's all right.</p> <p>23 Would you turn to Vessel Defendants 1019</p> <p>24 through 1024.</p> <p>25 MR. GILSENAN: We'll mark this as well. I</p>
<p style="text-align: right;">Page 161</p> <p>1 five minutes ago.</p> <p>2 A Correct.</p> <p>3 Q Do you recall?</p> <p>4 A Yes, sir.</p> <p>5 Q Okay. And we've also examined that in</p> <p>6 Section 3.6 of the GTR it says, the shipyard</p> <p>7 determines how to do the work, correct?</p> <p>8 A Correct.</p> <p>9 Q All right. So do you have any difficulty</p> <p>10 accepting that part of the GTR is incorporated here</p> <p>11 in Section 5.3?</p> <p>12 A It does point back to the GTR based on the</p> <p>13 excerpts that we've just gone over. It certainly</p> <p>14 looks like the GTR ties that point together.</p> <p>15 Again, I am just trying not to create,</p> <p>16 alter, you know, change opinions based on new</p> <p>17 documentation and reference back to things that I</p> <p>18 have looked at without the opportunity to make sure</p> <p>19 that I've got all of the information. But I agree</p> <p>20 with your statement, that this Section 5.3 ties back</p> <p>21 to the GTR which I did not have until last Friday.</p> <p>22 Q Okay. And -- very good.</p> <p>23 So as we sit here today, you have nothing</p> <p>24 to object to the provision that -- and the GTR -- the</p> <p>25 shipyard determines how to do the work, and that the</p>	<p style="text-align: right;">Page 163</p> <p>1 guess this will be 5.</p> <p>2 (letter from Detyens Shipyards, Inc.</p> <p>3 to Crowley Government Services dated</p> <p>4 8/1/18 marked Defendants' Exhibit Number 5</p> <p>5 for identification.)</p> <p>6 BY MR. GILSENAN:</p> <p>7 Q This is a letter from Detyens Shipyards to</p> <p>8 Crowley Government Services.</p> <p>9 A Okay. I received that in the same document</p> <p>10 with the Palfinger reports.</p> <p>11 Q Okay.</p> <p>12 A I'm sorry. I'm looking at DSI 1019.</p> <p>13 You're referring to which one?</p> <p>14 Q Vessel Defendants 1019.</p> <p>15 A I have Vessel Defendants up to 987.</p> <p>16 Q It would have come to you with the e-mail</p> <p>17 that contained the previous document with the headers</p> <p>18 on it. I sent them both out on the same day.</p> <p>19 A So Document Number -- I'm sorry. The</p> <p>20 number again?</p> <p>21 Q 1019.</p> <p>22 A Yeah. I have got up to 1013. I'm getting</p> <p>23 there.</p> <p>24 I'm not seeing it.</p> <p>25 Q Okay. What's your e-mail address?</p>

Gerald Nielsen

<p>Page 164</p> <p>1 A Gerry@oceanridgemaritime.com.</p> <p>2 Q Okay. If you will give me a second, I will</p> <p>3 send it to you.</p> <p>4 A I've got 988 through 1013 which was</p> <p>5 provided on January 7th.</p> <p>6 Q Now, this would have been sent in early</p> <p>7 March. Just give me a second and I'll send it to</p> <p>8 you.</p> <p>9 A I've got 1014 to 1018, 1025 to 1027, 1239</p> <p>10 to 1240. I'm hitting all around it. I am not seeing</p> <p>11 it.</p> <p>12 Q All right. Just give me a second here.</p> <p>13 I'm sending it to you.</p> <p>14 A Okay.</p> <p>15 MR. YOUNG: Hey, Ryan, could you copy me on</p> <p>16 that?</p> <p>17 MR. GILSENAN: I did.</p> <p>18 MR. YOUNG: What is it that you're sending?</p> <p>19 MR. GILSENAN: This was Exhibit 15 to the</p> <p>20 Crowley Government Services deposition. It's</p> <p>21 the Detyens Exception and Qualifications letter.</p> <p>22 MR. YOUNG: Okay. What's the date on it?</p> <p>23 MR. GILSENAN: August 1, 2018.</p> <p>24 It will be Exhibit 5 today.</p> <p>25 MR. YOUNG: But we don't have the</p>	<p>Page 166</p> <p>1 A Typically, yes.</p> <p>2 Q Okay. I don't know if you're familiar with</p> <p>3 the process that we see here where a bid package is</p> <p>4 put out and then the bidding shipyard comes back with</p> <p>5 a letter such as this, exceptions and qualifications</p> <p>6 where they exclude certain issues or certain tasks</p> <p>7 from the work altogether, and on other tasks they</p> <p>8 request clarification from the customer.</p> <p>9 A Correct.</p> <p>10 Q Is that a standard process that you're</p> <p>11 familiar with?</p> <p>12 A It may not be referred to as clarifications</p> <p>13 and exceptions but, yeah, typically there's a back</p> <p>14 and forth when there is more information required or,</p> <p>15 you know, something that is under General Services,</p> <p>16 not billed separately, yes.</p> <p>17 Q Okay. If you turn to the second page,</p> <p>18 Vessel Defendant 1020.</p> <p>19 A Okay.</p> <p>20 Q Do you see at the top there it says</p> <p>21 Clarifications and Exceptions?</p> <p>22 A Yes.</p> <p>23 Q Okay. And that's what the shipyard has</p> <p>24 chosen to call this list of items that go on for</p> <p>25 several pages. Do you agree?</p>
<p>Page 165</p> <p>1 transcript from Crowley yet, do we?</p> <p>2 MR. GILSENAN: Correct. I sent these</p> <p>3 exhibits to the court reporter and copied</p> <p>4 everybody like the day after that depo.</p> <p>5 MR. YOUNG: Okay. I'm still waiting on</p> <p>6 that transcript.</p> <p>7 MR. GILSENAN: Me too.</p> <p>8 THE WITNESS: I have the document in front</p> <p>9 of me.</p> <p>10 BY MR. GILSENAN:</p> <p>11 Q Okay. I'll give you a minute to read it.</p> <p>12 A Okay. Without reading every line item,</p> <p>13 I've got a good idea of what I'm looking at.</p> <p>14 Q Okay. In your career, how many shipyard</p> <p>15 specifications have you written?</p> <p>16 A Dozens.</p> <p>17 Q Okay.</p> <p>18 A I was going to say 100 but we will keep it</p> <p>19 at dozens, 50.</p> <p>20 Q All right. And how about bid packages?</p> <p>21 A My experience has been with work items, not</p> <p>22 the larger bid packages.</p> <p>23 Q Okay. So when you have written a spec,</p> <p>24 you've written a spec for a particular task as part</p> <p>25 of an overall shipyard availability?</p>	<p>Page 167</p> <p>1 A Yes.</p> <p>2 Q And I know you've just got this document</p> <p>3 and, obviously, you haven't had a chance to take in</p> <p>4 the whole thing, but would it surprise you that the</p> <p>5 shipyard had no questions about Item 601, Lifeboat</p> <p>6 Davit Repairs?</p> <p>7 A Well, I don't see anything for 601 so...</p> <p>8 Q I'll represent to you there's nothing in it</p> <p>9 about Item 601.</p> <p>10 A Yeah. I will agree with you that I don't</p> <p>11 see anything on 601 either.</p> <p>12 Q Okay. And the question is, would that</p> <p>13 surprise you?</p> <p>14 A I don't know if I would use the word</p> <p>15 surprise. It doesn't surprise me, it doesn't not</p> <p>16 surprise me. It's something that they -- if they're</p> <p>17 not asking for clarification, it's an indication that</p> <p>18 they understand the task or that they don't know what</p> <p>19 they don't know yet.</p> <p>20 Q Right. Well, let's go back to the previous</p> <p>21 exhibit. This is the ship repair specification.</p> <p>22 That was Exhibit 4. And let's look at 967, Vessel</p> <p>23 Defendants 967.</p> <p>24 A Okay.</p> <p>25 Q It says Item Number -- among other verbiage</p>

Gerald Nielsen

<p style="text-align: right;">Page 168</p> <p>1 at the top of the page, Item Number 601, Lifeboat 2 Davit Repairs and Falls Renewal. 3 Do you see that? 4 A Yes. I'm on 967. 5 Q Okay. And then if you will, turn to 968, 6 up at the top of the page. In those first several 7 paragraphs, they talk about removing the lifeboats 8 within 24 hours of the vessel's arrival. 9 A Correct. 10 Q And then at 7.2, remove and dispose of the 11 lifeboat falls. 12 A Correct. 13 Q And now with the falls removed, the davit 14 arms are going to have to be restrained, correct? 15 A Yes. 16 Q Okay. So from this specification it's 17 clear to the shipyard that the davits are going to 18 have to be restrained. Do you agree with that, the 19 arms? 20 A Restrained or removed. 21 Q Sure. And as -- 22 A I'm sorry to interrupt but there's -- at 23 this point the other option is to leave them in the 24 outboard or deployed position, and that doesn't work 25 for getting the work done eventually, but I'm</p>	<p style="text-align: right;">Page 170</p> <p>1 BY MR. GILSENAN: 2 Q So Section 7.8.1, Mr. Nielsen, it says 3 blast and recoat the entire davit. 4 A No. It says blast -- 7.8.1., blast all 5 external painted surfaces, but this is under 7.8 6 which is specific to the electric motors. 7 Q Go up two lines. 8 A Okay. I'm sorry. I was looking at 7.8.1 9 when you said blast. I did not see that -- 10 So 7.8, present davits for inspection, 11 blast and recoat the entire davit in wire trays for 12 the on-site guidance -- okay. 13 Correct. I see that. 14 Q Okay. So you can't leave the davit arms in 15 one place for that, you have to have -- if you're 16 going to leave the davit arms on the davit, you're 17 going to have to move them at some point and latch 18 them somewhere so you can, at some point, access the 19 entire davit for blasting and coating. 20 A Yes. 21 Q Okay. So this original spec, and we could 22 probably go through it in even further detail, but at 23 least that part establishes that at some point the 24 davit arms, if not removed, are going to have to be 25 rigged.</p>
<p style="text-align: right;">Page 169</p> <p>1 bringing that up because it may not be a requirement 2 at this point. At this point they are doing work on 3 the -- they are doing inspections, they are working 4 on the wench, the brakes. What I'm looking for is at 5 what point is the inspection done which then lays out 6 all of the work that needs to be done on the davit -- 7 the davit arms themselves. And that's in 7.9, 8 inspect and provide a condition report of findings. 9 Q Well, look up higher at 7.8.1, blast and 10 recoat the entire davit and wire trays per the 11 on-site guidance of the PPG rep. 12 A Okay. 13 Q So to do that, you couldn't leave the davit 14 arms deployed the entire time. They'd have to be 15 moved so you could access the entire davit at one 16 point or another. 17 A Right. That section is specific to the 18 electric motors where it would be typical where they 19 would be removed and put in a shop. I don't believe 20 that that section pertains to the entire structure. 21 MR. HOOD: Could I get the page number real 22 quick? 23 MR. GILSENAN: Yeah. Vessel Defendants 24 969. 25 MR. HOOD: Thank you.</p>	<p style="text-align: right;">Page 171</p> <p>1 A At some point they will need to be moved 2 and there would be options on how to do that. But 3 yes, they would have. You can't do all of that work 4 in one place. 5 Q Sure. And they would have to be moved and 6 then secured in some fashion while a different part 7 of the davit is being blasted and coated. 8 A Correct. 9 Q Okay. And we've established, and you would 10 agree with me, that based on what you know today, the 11 shipyard is responsible for all rigging. 12 A Based on the document we were looking at, 13 yes. 14 Q Yes. That's at Vessel Defendants 960, 15 Section 3.3. 16 A Yes. 17 Q All right. So we go back to Exhibit 5, 18 Vessel Defendants 1019 through 1024, the exceptions 19 and qualifications. And I believe what you said was 20 if there's no question phrased about Item 601, then 21 Detyens either knew what to do or believed they did, 22 or they didn't know what they didn't know. 23 A Correct. Because this document does not 24 preclude questions from coming up later. 25 Q Sure. This document is dated August 1,</p>

Gerald Nielsen

<p style="text-align: right;">Page 172</p> <p>1 2018, three months before the ship reached the</p> <p>2 shipyard. So if there's no question about Item 601</p> <p>3 and 601 contemplates moving and rigging the davit</p> <p>4 arms in some respect, is it fair to say that Crowley</p> <p>5 could rely that Detyens thought they knew what they</p> <p>6 were doing with respect to rigging these davit arms?</p> <p>7 A With no questions in this document, yes, I</p> <p>8 would agree with that.</p> <p>9 Q Okay. It's not up to Crowley, would you</p> <p>10 agree, to write back to them and say, you know, among</p> <p>11 the hundreds of items you're doing are you sure</p> <p>12 you're clear on Item 601?</p> <p>13 A I would agree with that. I'm scanning --</p> <p>14 still scanning the document a little bit. So this is</p> <p>15 in response to the bid proposal, DSI 5021.</p> <p>16 I'm assuming that that bid proposal had</p> <p>17 Item 601 in it and that wasn't part of a separate set</p> <p>18 of work items, this wasn't parsed out into several</p> <p>19 packets.</p> <p>20 Q Correct.</p> <p>21 A Okay.</p> <p>22 Q You are correct.</p> <p>23 A Okay. Just making sure.</p> <p>24 Q Sure. And so you would agree that it's not</p> <p>25 up to Crowley to write back to Detyens and say, are</p>	<p style="text-align: right;">Page 174</p> <p>1 Q Okay. And then, of course, if the shipyard</p> <p>2 asked you for the manual, you would find it and get</p> <p>3 it to them.</p> <p>4 A Yes.</p> <p>5 Q But if they don't ask, you don't just bring</p> <p>6 your whole library of ships manuals to the project</p> <p>7 manager's office in the yard.</p> <p>8 A No.</p> <p>9 Q Okay. Let's go to your report, please.</p> <p>10 A Okay.</p> <p>11 Q All right. At Page 12 --</p> <p>12 A Okay.</p> <p>13 Q -- about two-thirds down you write,</p> <p>14 Palfinger is an international company that provides</p> <p>15 lifesaving products and inspection services for</p> <p>16 marine equipment such as lifeboat davits.</p> <p>17 A Correct.</p> <p>18 Q All right. And the next line, Palfinger</p> <p>19 conducted an initial survey of all six lifeboats and</p> <p>20 davits along with representatives from the American</p> <p>21 Bureau of Shipping, the United States Coast Guard,</p> <p>22 DSI -- that's the shipyard -- Quality Assurance, and</p> <p>23 CGS, Crowley Government Services, on or around 4</p> <p>24 January 2019.</p> <p>25 A Correct.</p>
<p style="text-align: right;">Page 173</p> <p>1 you sure you're clear on 601?</p> <p>2 A I agree with that. Yes.</p> <p>3 Q Okay. And with this exceptions and</p> <p>4 clarifications process, you agree that if the</p> <p>5 shipyard wanted to see a manual for a particular</p> <p>6 piece of equipment they're working on, among the</p> <p>7 thousands of manuals related to the ship they could</p> <p>8 ask for such a manual.</p> <p>9 A Yes.</p> <p>10 Q Okay. And if they don't ask for such a</p> <p>11 manual, is it fair to say that the shipyard either</p> <p>12 already had one or believed that they didn't need it?</p> <p>13 A Yes.</p> <p>14 Q Okay. In your experience writing dozens of</p> <p>15 shipyard specs over the years, did you typically</p> <p>16 provide the manual automatically for every piece of</p> <p>17 equipment that the shipyard was going to work on?</p> <p>18 A Automatically, no. There might be certain</p> <p>19 circumstances where you provide excerpts or</p> <p>20 references to based on experience when you want to be</p> <p>21 sure that something is done in a particular way.</p> <p>22 Q Sure.</p> <p>23 A You know, you typically would not include a</p> <p>24 manual, you would reference an important part or</p> <p>25 section, if necessary.</p>	<p style="text-align: right;">Page 175</p> <p>1 Q All right. Is it fair to say that of those</p> <p>2 parties you've identified that Palfinger would be the</p> <p>3 lifeboat davit specialist?</p> <p>4 A Yes.</p> <p>5 Q Okay. And would you expect Palfinger to</p> <p>6 have greater specific knowledge of the lifeboat</p> <p>7 davits than even the experienced, albeit generalist,</p> <p>8 ABS surveyor and Coast Guard inspectors?</p> <p>9 A Yes.</p> <p>10 Q And the Crowley port engineer?</p> <p>11 A Yes, in general. I would expect that</p> <p>12 Palfinger would be the most knowledgeable about the</p> <p>13 equipment there.</p> <p>14 Q Right. And you and I have similar</p> <p>15 backgrounds and I recall working on say a fuel oil</p> <p>16 purifier. When the Alfa Laval guy comes, we as</p> <p>17 licensed engineers in the engine room kind of step</p> <p>18 back and let him do his work and maybe teach us</p> <p>19 something.</p> <p>20 A Correct.</p> <p>21 Q Okay. And is it fair to say that as</p> <p>22 engineers on ships and port engineers or former</p> <p>23 engineers on ships, they are responsible for</p> <p>24 everything from the steering gear to the anchor</p> <p>25 windlass, to fuel injectors on the main engine to</p>

Gerald Nielsen

<p style="text-align: right;">Page 176</p> <p>1 lifeboats, generally responsible for all mechanical 2 equipment? 3 A Correct. 4 Q But they are not a specialist in one 5 particular equipment which is why we have an OEM 6 representative, correct? 7 A Correct. 8 Q All right. And it would be fair to rely 9 upon the expertise of an OEM representative unless 10 for some reason he gives us an indication of 11 incompetence. 12 A There's two things there. One is there is 13 certain equipment such as life saving equipment and 14 fire equipment which regulations require that a 15 third-party inspection be done. There's other 16 equipment such as your example of the Alfa Laval 17 purifier where it's expensive and heavy and you may 18 not be an expert in something that's spinning at 19 12,000 RPM and you don't want to put it together 20 wrong so you bring on the OEM for specific expertise. 21 In the case of lifesaving appliances and 22 fire equipment, the OEM is brought on to meet the 23 regulatory requirements that there be third-party 24 verification also, not necessarily with the 25 inspection but with the testing and everything else</p>	<p style="text-align: right;">Page 178</p> <p>1 training, knowledge, experience, it is incumbent upon 2 them to bring it forward regardless of whether or not 3 the OEM has noted it or mentioned it or sees it at 4 all. 5 Q Fair to say that anybody onboard who sees 6 an unmitigated danger should raise the flag? 7 A Yes. 8 Q Okay. Agreed. 9 Now, here we have a case where nobody 10 perceived it, and you testified for Mr. Hood that the 11 wire rope, for example, did not fail because it was 12 overloaded. 13 A That's correct. 14 Q Okay. It did not fail because the Crosby 15 clips were applied in a certain way or in another 16 way. 17 A Correct. 18 Q Okay. It did not fail because of the turn 19 of the wire rope around the 90-degree edge, correct? 20 A That is the location where the electric 21 current passed through it and it did fail there. 22 Q That's the location of where it fell. 23 A But the expert report points to the 24 electrical current as the cause of failure. 25 Q Right. And so when you have -- and the</p>
<p style="text-align: right;">Page 177</p> <p>1 that would be ongoing for it to be recertified. 2 Q Sure. Understood. But it's also in this 3 case the Palfinger representative, perhaps plural, 4 issued a 59-page report with detailed notes of the 5 exact work to be done on these davits. Agree? 6 A Yes. 7 Q Okay. And you would agree that that's a 8 bit more rigorous and intensive than a third-party 9 observation of a static and dynamic test of the 10 lifeboat when the work's done? 11 A Yes. Much more detail. 12 Q Sure. So they were heavily engaged in this 13 project, correct? 14 A Yes. 15 Q Okay. And when you have an OEM 16 representative present with that level of engagement, 17 is it fair to say that the generalist port engineer, 18 the generalist ABS surveyor, experienced as they may 19 be, have a right to rely on that OEM expertise when 20 they have that level of engagement? 21 A They should rely upon the OEM expertise. 22 However -- and we discussed this at great length 23 earlier today -- not knowing what the involvement of 24 ABS, US Coast Guard, was at the time, if they had 25 noted a hazard or risk, it is -- based on their</p>	<p style="text-align: right;">Page 179</p> <p>1 other 11 davit arms did not -- whose wire ropes were 2 not subjected to electrical current, did not fail. 3 Do you agree with that? 4 A I agree that it was the only one that 5 failed. 6 Q The one that was subjected to an electrical 7 event. 8 A Correct. 9 Q Now, when all of these parties that you 10 name on Page 12 were present for the inspection, and 11 when they would have seen how these davit arms were 12 rigged for five months successfully before the one 13 arm failed because of the electrical current, would 14 they have been in a position to observe an electrical 15 current that could cause one of these wire ropes to 16 melt? 17 A No. 18 Q Okay. All right. 19 I would like to go to your first opinion at 20 Page 17. 21 Are you there? 22 A I am. 23 Q Okay. Crowley's contract specifications 24 did not adequately detail proper methods for securing 25 lifeboat davit arms on the USNS Jack Lummus and</p>

Gerald Nielsen

<p style="text-align: right;">Page 180</p> <p>1 Detyens Shipyard did not utilize safer customary 2 securing methods to secure lifeboat davit arms for 3 shipyard service. So I'm just going to focus on the 4 Crowley part because Jamie Hood covered the shipyard 5 already. 6 A Correct. 7 Q So in view of the general technical 8 requirement provision that the shipyard determines 9 how to do the work and in view of the work 10 specification, Exhibit 4, that says the contractor is 11 responsible for all of the rigging and unrigging, and 12 in view of Detyens' opportunity to present questions 13 or seek clarification in the letter of August 1, 14 2018, Exhibit 5, how was Crowley's contract 15 specification deficient? And if it was, why did the 16 shipyard not ask any questions or clarification? 17 A Two parts to that question. The first one 18 is in a very -- I'm going to try very hard not to 19 provide a supplemental report by thinking with my 20 mouth open, which I just want to avoid doing that, 21 that's not going to serve any of us -- so in light 22 of -- in light of the GTR -- 23 So the two parts, Ryan, to your question -- 24 and again, with the statement that I'm trying not to 25 create a supplemental report by thinking with my</p>	<p style="text-align: right;">Page 182</p> <p>1 talking. 2 Q I understand. Thank you for that. 3 And I guess that, you know, we're here 4 today and I have to ask you about what we have so 5 I'll put it in a hypothetical sense and if you find 6 something different afterwards, fine. 7 If the GTR, hypothetically, is clear that 8 the shipyard determines how to do the work, and if 9 the contract document, the specification at Vessel 10 Defendants 960, says the shipyard is responsible for 11 handling rigging, and then, in Exhibit 5, the 12 shipyard gets to ask questions or seek clarification 13 on how to do something but they don't ask about this 14 item, is it fair to say that the contract 15 specification provided by Crowley, as far as Crowley 16 could reasonably know, is adequate? 17 A As a hypothetical, realizing that there is 18 a little bit more documentation to look into now, I 19 would agree with that hypothetical. If there was a 20 specification put forward that meets the requirements 21 of the specification as per the agreed upon 22 documentation and there are no questions, I think 23 it's a reasonable statement that there were no 24 questions and, therefore, it was understood. 25 Q Of the shipyard had to do the work.</p>
<p style="text-align: right;">Page 181</p> <p>1 mouth open -- the GTR is a new document to me this 2 past Friday, which I've not gone through in 3 sufficient detail. The other document which was just 4 provided, I do not believe I have seen that before. 5 Based on the information in there, I believe that it 6 would be prudent for me to go back and look at this 7 opinion to see if, in fact, there is support for me 8 saying that Crowley was not in compliance with their 9 requirements. 10 As a statement of fact, which I don't -- 11 this opinion is not intended just to be a statement 12 of fact, as that a statement of fact -- there is no 13 detail in the specifications that tells the shipyard 14 how to do that, but what I have to reconcile now is 15 this new information and say, well, is there enough 16 information in there for me to be satisfied with an 17 opinion that, well, they met all of their 18 requirements and there was no requirement, there was 19 no good business practice, there was no need to go 20 any further. 21 So this is -- speaking honestly here, this 22 is new territory for me, being presented with 23 information during a deposition that makes me 24 possibly rethink an opinion, so I don't want to go 25 further with it and, you know, rewrite it while</p>	<p style="text-align: right;">Page 183</p> <p>1 A Correct. 2 Q Okay. 3 Opinion 2, I believe, is directed not at 4 Crowley at all. Would you confirm that? 5 A I agree with that because any type of 6 oversight inspection work area is covered outside of 7 Opinion 2. 8 Q Okay. Let's look at Opinion 3. That's at 9 Page 24 of your report. 10 A Yes. 11 Q Oversight and inspection of work areas on 12 the USNS First Lieutenant Jack Lummus by Crowley 13 Government Services, Inc. and Hightrak Staffing, 14 Inc., leading up to the 3 April 2019 were inadequate 15 and not in compliance with contractual requirements 16 or industry standards. 17 So let's just start with the contractual 18 requirements with respect to Crowley. I think the 19 testimony you just finished under that hypothetical 20 is that if these documents I've presented to you are 21 accurate, that the shipyard determines how to do the 22 work under the GTR, the shipyard is responsible for 23 rigging and unrigging, at Vessel Defendants 960, 24 Exhibit 4, and the shipyard at Exhibit 5 had an 25 opportunity to ask questions and clarifications and</p>

Gerald Nielsen

<p>Page 184</p> <p>1 did not do so, then at least with respect to</p> <p>2 contractual requirements, Crowley has met its</p> <p>3 obligations.</p> <p>4 MR. YOUNG: Object to the form of that</p> <p>5 question, Ryan.</p> <p>6 THE WITNESS: Again, I'm going to not agree</p> <p>7 with the way you're wrapping that up because</p> <p>8 Opinion 1 was very specific to the contract</p> <p>9 specifications, whereas Opinion 3 is more to the</p> <p>10 oversight and inspection of work areas. This is</p> <p>11 not writing specifications and preparing for</p> <p>12 shipyard, this is while the vessel is in the</p> <p>13 shipyard with work ongoing, and that's why the</p> <p>14 bullet points that I have there specific to</p> <p>15 Crowley is during execution, during the shipyard</p> <p>16 periods, monitoring repairs, managing the dry</p> <p>17 dock, and supervision of work being performed at</p> <p>18 DSI. I've tried to separate the two items so</p> <p>19 that this one does just focus on work underway.</p> <p>20 BY MR. GILSENAN:</p> <p>21 Q Okay. If you -- I think distilling your</p> <p>22 report to a sentence, it's that the davit arms should</p> <p>23 have had two mechanisms of restraint, correct?</p> <p>24 A That would be the first part of the</p> <p>25 sentence because it's -- the davit arm should have</p>	<p>Page 186</p> <p>1 department, correct?</p> <p>2 A Correct.</p> <p>3 Q All right. It would have been visible to</p> <p>4 an ABS surveyor who happened to look at it?</p> <p>5 A If they were closely reviewing or</p> <p>6 inspecting that equipment. And I don't want to make</p> <p>7 a blanket statement that an ABS or a Coast Guard or</p> <p>8 somebody else should have inspected it if they were</p> <p>9 just either in the area or passing by or in the event</p> <p>10 of that initial inspection. I have no indication as</p> <p>11 to how close they were. So I will agree with your</p> <p>12 statement that if that was their task, to go and</p> <p>13 review this for safety, they should have noticed it.</p> <p>14 Q And even if they were just on deck in way</p> <p>15 of the lifeboat davits, if they had looked up at the</p> <p>16 davit arms and seen -- after all, it is called a</p> <p>17 gravity davit -- what is causing the suspension of</p> <p>18 the arm, they would have seen the wire rope holding</p> <p>19 it in place. Fair to say?</p> <p>20 A They should have, yes.</p> <p>21 Q Sure. And the same goes for any Coast</p> <p>22 Guard inspector who happened to look up at what was</p> <p>23 holding the davit arm so high.</p> <p>24 A Should have. If they were either there</p> <p>25 looking for safety issues or if they happened to look</p>
<p>Page 185</p> <p>1 had a secondary means of retention or had been</p> <p>2 removed, and if left as is, there were multiple</p> <p>3 opportunities for the hazard to have been identified</p> <p>4 and rectified.</p> <p>5 Q Okay. And the hazard in question was a</p> <p>6 stray electrical current. Was the mechanism a</p> <p>7 failure?</p> <p>8 A The hazard was the stored energy, the davit</p> <p>9 being secured in an area where gravity could work on</p> <p>10 it if you lost your retention, so the hazard was the</p> <p>11 stored energy. The risk was that something could</p> <p>12 happen to release that stored energy. That risk</p> <p>13 manifested itself in the way of a stray current.</p> <p>14 Q Okay. And with respect to that, the risk</p> <p>15 of the stray current, we don't know where it came</p> <p>16 from but most probably it was from ungrounded welding</p> <p>17 at some point prior to this incident.</p> <p>18 A That's my understanding, yes.</p> <p>19 Q Okay. And you also agree that these davit</p> <p>20 arms on this ship, all 12 of them, had been</p> <p>21 successfully restrained for five months.</p> <p>22 A From sometime in November to sometime in</p> <p>23 April. So yes, approximately five months. Correct.</p> <p>24 Q Okay. And that this method of constraint</p> <p>25 would have been visible to the Detyens safety</p>	<p>Page 187</p> <p>1 up. And I can't say that I understand the view from</p> <p>2 the walkway well enough to know how that would stand</p> <p>3 out to somebody transiting the area.</p> <p>4 Q Okay. And also to the shipyard workers</p> <p>5 themselves who were working on the davits, they could</p> <p>6 have, had they looked, seen the wire restraint.</p> <p>7 A Yes, they could have. And probably would</p> <p>8 have been in a better position to see it. But, as</p> <p>9 discussed earlier, quite often when you get into the</p> <p>10 lower level trades, their awareness of, okay, is</p> <p>11 there anything else holding that up, I don't know.</p> <p>12 You can see a wire rope. I'm not -- I</p> <p>13 can't take that and say, okay, he would then</p> <p>14 understand the hazard.</p> <p>15 Q Okay. But it would be visible to one who</p> <p>16 looked there, whether he appreciated the hazard or</p> <p>17 not.</p> <p>18 A Yes.</p> <p>19 Q And, of course, the Detyens rigging shop</p> <p>20 led by a rigging superintendent, would have seen how</p> <p>21 the davit arms were rigged because they rigged them.</p> <p>22 A Correct.</p> <p>23 Q All right. And the Palfinger</p> <p>24 representative who attended the vessel would have</p> <p>25 seen how the davit arms -- it's his equipment -- were</p>

Gerald Nielsen

<p style="text-align: right;">Page 188</p> <p>1 restrained.</p> <p>2 A Based on the detail in the report, he would</p> <p>3 have looked at every area of the davits and davit</p> <p>4 arms, so yes, it should have been -- it should have</p> <p>5 been apparent.</p> <p>6 Q Okay. And it's your view that with all of</p> <p>7 these people and professionals and either an OEM</p> <p>8 specialist viewing the method of restraint without</p> <p>9 objection for five months, that Crowley somehow</p> <p>10 should have taken charge of the rigging and</p> <p>11 intervened and objected to the method of restraint.</p> <p>12 A What I am saying is that amongst all of the</p> <p>13 people and the groups and the parties that you just</p> <p>14 mentioned as having the ability or the responsibility</p> <p>15 to be looking at this equipment, there is also the</p> <p>16 Crowley crew onboard, especially the deck department,</p> <p>17 that would be responsible for lifesaving equipment</p> <p>18 and was tasked with -- I'm sorry. I'm looking at the</p> <p>19 report while talking -- they had responsibility for</p> <p>20 inspecting and accepting different jobs, the lifeboat</p> <p>21 davits being one of them.</p> <p>22 So there's -- in the contract there's the</p> <p>23 requirement for them to inspect and accept but there</p> <p>24 is also -- my maritime experience that tells me that</p> <p>25 when you are in a shipyard and you're a crew and you</p>	<p style="text-align: right;">Page 190</p> <p>1 to do with fire and boat drills.</p> <p>2 A It would be a different matter and it would</p> <p>3 be much more critical to see what's going on if this</p> <p>4 was being worked on offshore and being taken out</p> <p>5 of -- potentially taken out of service for a period</p> <p>6 of time. This is in the yard, it's not being used as</p> <p>7 part of the evacuation.</p> <p>8 But going back to the responsibilities,</p> <p>9 manage, shipyard repair, subcontract, execution,</p> <p>10 sufficient personnel to monitor repairs and</p> <p>11 alterations, supervision of the work being performed</p> <p>12 at DSI, my experience is that the ship owner or</p> <p>13 operator would not take the third engineer or a</p> <p>14 motorman and say, I need you keeping an eye on the</p> <p>15 lifeboat repairs. That would fall under the owner of</p> <p>16 the equipment that -- the person who's responsible</p> <p>17 for it in operation, most likely the chief mate.</p> <p>18 Q Okay. So if there is a duty to warn of a</p> <p>19 hazard, that duty arises when the person who would</p> <p>20 worn is aware of that, correct?</p> <p>21 A That's my understanding, yes.</p> <p>22 Q Okay. You can't warn about something</p> <p>23 you're not aware of. Agreed?</p> <p>24 A You cannot warn about something you're not</p> <p>25 aware of.</p>
<p style="text-align: right;">Page 189</p> <p>1 were on the vessel, you don't not look at critical</p> <p>2 equipment that's being worked on. You're doing it</p> <p>3 during your rounds. You should be doing it as part</p> <p>4 of your daily operation, your oversight of the work</p> <p>5 being done, you know, to use -- to go specific,</p> <p>6 supervision of the work being performed, monitoring</p> <p>7 repairs and alterations.</p> <p>8 You know, some of it is specific to the</p> <p>9 port engineer but some of it is more general to the</p> <p>10 crew. So in your entire list of persons and parties</p> <p>11 that should have an eye on this, the Crowley crew is</p> <p>12 definitely on that list, and as owners of that</p> <p>13 equipment, as operators of that equipment, there's --</p> <p>14 there should be special interest paid to it by</p> <p>15 persons such as the chief mate who's responsible for</p> <p>16 lifesaving equipment, the operation of lifesaving</p> <p>17 equipment onboard.</p> <p>18 Q Well, you would agree that this lifesaving</p> <p>19 equipment was out of service and out of commission</p> <p>20 for many months. They were not going to rely on the</p> <p>21 point of a lifeboat that wasn't there to get away</p> <p>22 from the ship during this period.</p> <p>23 A Correct. Absolutely.</p> <p>24 Q Okay. It would be a different matter if</p> <p>25 the ship is underway and in trade under a COI having</p>	<p style="text-align: right;">Page 191</p> <p>1 My point is that there were ample</p> <p>2 opportunities to inspect, review, look at, and, you</p> <p>3 know, some of it is outside of the discussion about</p> <p>4 the people when you get into the JSA's -- I realize</p> <p>5 I'm getting back to the shipyard with that</p> <p>6 statement -- but the opinion is there were mechanisms</p> <p>7 there and there were persons there that had the</p> <p>8 opportunity to see that there was only a single means</p> <p>9 of retention. At least some of them should have</p> <p>10 understood that it was rigged not in accordance with</p> <p>11 the manufacturer's or OSHA regulations, and that</p> <p>12 there was a hazard --</p> <p>13 Q If I could stop you for a minute, I think</p> <p>14 you testified before that there was no OSHA violation</p> <p>15 from the manner in which this was rigged.</p> <p>16 MR. YOUNG: Object to the form of that</p> <p>17 question.</p> <p>18 THE WITNESS: There was no OSHA standard</p> <p>19 for the secondary retention. There is an OSHA</p> <p>20 standard regarding the use of wire rope and</p> <p>21 slings and there is the manufacturer's</p> <p>22 documentation on how to properly use the clips</p> <p>23 and there is documented rigging standards which</p> <p>24 also go back to national standards regarding how</p> <p>25 to use it. So my statement was there was no</p>

Gerald Nielsen

<p style="text-align: right;">Page 192</p> <p>1 secondary retention standard; however, the</p> <p>2 actual clipping, rigging, wrapping of it was not</p> <p>3 proper.</p> <p>4 BY MR. GILSENAN:</p> <p>5 Q But be that as it may, that was not the</p> <p>6 mechanism of failure, correct?</p> <p>7 A The mechanism of failure was the electric</p> <p>8 charge going through the wire.</p> <p>9 Q Okay. So I just want to break this down.</p> <p>10 There was no OSHA violation for having a</p> <p>11 single point restraint. Agreed?</p> <p>12 A Correct.</p> <p>13 Q Okay. And the manner in which the Crosby</p> <p>14 clamps were fastened to the wire was not a cause of</p> <p>15 failure. Correct?</p> <p>16 A Correct.</p> <p>17 Q The lack of chafing gear around the</p> <p>18 90-degree turn that the wire was bent was not a</p> <p>19 mechanism of failure. Agreed?</p> <p>20 A Yes.</p> <p>21 Q So we cannot point to any OSHA violation</p> <p>22 that was a mechanism of failure. Agreed?</p> <p>23 A I'm looking back at my Opinion Number 2 and</p> <p>24 I've got to go into the OSHA standard to get the</p> <p>25 exact wording, where the wire rope is installed</p>	<p style="text-align: right;">Page 194</p> <p>1 especially if you're going through a JSA, especially</p> <p>2 in the last 10 years talking about drops and stored</p> <p>3 energy and line of fire, not having secondary</p> <p>4 retention on something that is suspended above</p> <p>5 workers is bad business practice at this point in</p> <p>6 time, where 20 years ago it may have been okay.</p> <p>7 Q Okay. Well, you talk about the industry</p> <p>8 standard and the custom and all of it. Do you have</p> <p>9 any reason to disagree that Detyens has been</p> <p>10 restraining gravity davit arms in this manner for</p> <p>11 20-something years?</p> <p>12 A That's what the testimony shows, that</p> <p>13 they've been doing it that way since the 1990's.</p> <p>14 Q Okay. And so that would be the custom and</p> <p>15 practice in the marine industry at Detyens Shipyard,</p> <p>16 correct?</p> <p>17 A At Detyens Shipyard, yes.</p> <p>18 Q Okay. And so I guess I just -- I can't</p> <p>19 make it out. If we have an established custom and</p> <p>20 practice that has worked well for over two decades</p> <p>21 and it's not an OSHA violation, and it's been</p> <p>22 suspending these arms on this vessel for five months,</p> <p>23 and you have rigging specialists at the shipyard who</p> <p>24 sign off on this procedure, how is Crowley supposed</p> <p>25 to know that there's a stray electrical current</p>
<p style="text-align: right;">Page 193</p> <p>1 around a sharp corner bend without protection from</p> <p>2 electrical currents or damage.</p> <p>3 But that's -- bear with me one second,</p> <p>4 please, just so I don't miss...</p> <p>5 Q Sure.</p> <p>6 A Yeah. If the sling's in padding it just</p> <p>7 refers to sharp edges or corners.</p> <p>8 So yes, the wrapping of the wire since</p> <p>9 there was the electrical current as per the</p> <p>10 investigation and report, it was not the sharp turn</p> <p>11 that caused the failure of the wire.</p> <p>12 Q Right. So if the absence of chafing gear</p> <p>13 was an OSHA violation, that, however, was not the</p> <p>14 mechanism of failure. Agreed?</p> <p>15 A Agreed.</p> <p>16 Q Okay. And if the installation of the</p> <p>17 Crosby clamps in the manner in which they were</p> <p>18 installed was improper, that also was not the</p> <p>19 mechanism of failure. Agreed?</p> <p>20 A Correct.</p> <p>21 Q Okay. And using the single wire rope</p> <p>22 restraint was also not an OSHA violation.</p> <p>23 A It's a -- and I'm clear in the report, I do</p> <p>24 not state that that was an OSHA violation but it is</p> <p>25 normal, customary, standard when looking at a job,</p>	<p style="text-align: right;">Page 195</p> <p>1 somewhere that could part this thing and intervene?</p> <p>2 A The electrical current, as far as I know,</p> <p>3 there was nothing that would be visible to anybody</p> <p>4 doing a visual inspection of that. My opinions have</p> <p>5 been geared towards the lack of secondary retention</p> <p>6 or removing the arms to do the work as that would</p> <p>7 have -- it's doable, it's easier, and it would be</p> <p>8 safer.</p> <p>9 Q Okay. Would you agree that the mechanism</p> <p>10 of restraining these davit arms was open and obvious</p> <p>11 to anyone who cared to look at it?</p> <p>12 A I would say that the fact that it was held</p> <p>13 in place by a wire and clips, somebody could look at</p> <p>14 that, and, if asked how is that held in place, well,</p> <p>15 there's a wire and clips, I will not say that the</p> <p>16 hazard and the risk associated with that mechanical</p> <p>17 way of holding it is open and obvious to somebody who</p> <p>18 is not familiar with the fact that that's -- that it</p> <p>19 is held up there against gravity, that there isn't a</p> <p>20 secondary retention, that the clips, even though it</p> <p>21 was not the clips that caused it to part -- that the</p> <p>22 clips and the binding of three wires and the number</p> <p>23 of clips were all improper, but yes, if you ask</p> <p>24 somebody with minimal or no training how is that held</p> <p>25 up, they would say a wire and clips.</p>

Gerald Nielsen

<p style="text-align: right;">Page 196</p> <p>1 Q Right. The presence of a wire and clips 2 was open and obvious as the restraining mechanism. 3 A Yes. But the hazard of that arm in the 4 raised position, unless you understand that there 5 isn't secondary retention and you understand that 6 absent that wire this is designed to ride down this 7 track, the risk would not be open and obvious to 8 somebody just transiting through the area. 9 Q Okay. And it would have been certainly 10 open and obvious to the shipyard management that 11 there was a single wire holding the davit arms back 12 and not a secondary method of restraint. 13 A I do not know if that is the case or not 14 because, as we discussed earlier, in the first part 15 of the deposition, the senior safety manager out in 16 the yard had not visited the vessel. So we know that 17 the lower level contract personnel were doing their 18 walk-arounds but I do not know that the shipyard 19 management is aware of it, as you asked. 20 Q Okay. Well, this area of work fell under 21 the hull shop superintendent and he was responsible 22 for this work item. Do you agree with that? 23 A Yes. 24 Q Okay. Do you believe the hull shop 25 superintendent would have been familiar to know that</p>	<p style="text-align: right;">Page 198</p> <p>1 his lieutenants and the rigging shop superintendent 2 and -- or his lieutenants, it would've been open and 3 obvious to them that there was a single means of 4 restraining these davit arms, correct? 5 A That was the way -- as discussed earlier, 6 that was the way they intentionally put it, that 7 that's the means that they used to secure the davit 8 arm in the stowed position, so yes, they knew about 9 it. 10 Q That it was open and obvious to them 11 because they were responsible for the work. 12 A They were responsible for it and they 13 designed it that way and they did it that way, so 14 yes. 15 Q Okay. On Page 25 you mentioned that CGS 16 personnel did not attend daily shipyard safety 17 meetings and their interaction with DSI personnel was 18 not regular or formalized but happened by chance 19 only. 20 It's about three-quarters down the page. 21 A Yeah. I'm sorry. I'm going back to the 22 report. 23 Correct. 24 Q Okay. Now, whether CGS is there or not, if 25 the safety meetings held by the shipyard did not</p>
<p style="text-align: right;">Page 197</p> <p>1 these davit arms were restrained with a single 2 mechanism? 3 A That would be an assumption that he knew. 4 I would like to think that he occasionally visited 5 the ship and was aware of what was done but I do not 6 know that for a fact. 7 Q Okay. It's safe to say the hull shop 8 superintendent probably would have visited the damage 9 since work was going on from time to time? 10 A I don't know that for a fact. I would 11 expect that he would. I do not know that, though. 12 Q Okay. And the rigging shop superintendent, 13 he would have known that there was a single mechanism 14 restraining the davit arms, correct? 15 A Based on the fact that they had been doing 16 it that way, apparently, for more than 20 years, I 17 would expect that he would. 18 Q And he was in charge of this job. 19 A As far as I am aware, yes, he was. But I 20 don't know that he was there to inspect it at any 21 time. 22 Q Okay. 23 A The person or persons that did the rigging, 24 obviously, knew. 25 Q Sure. So the hull shop superintendent or</p>	<p style="text-align: right;">Page 199</p> <p>1 address this hazard, what difference would it have 2 made? 3 A The daily shipyard safety meetings, having 4 been in many of them in many shipyards, are an 5 opportunity for all parties to bring forward 6 comments, concerns, change management issues. Had 7 Crowley or any of the other parties been attending 8 the meetings and looking critically at this workspace 9 through the eyes of a JSA, or just general safety, 10 there should have -- there weren't many opportunities 11 for any of these parties to see something, to say 12 something, and bring it up and start the discussion. 13 If none of them ever noticed it and never 14 brought it up -- now, that's one thing, we can't make 15 them bring it up -- but not attending the daily 16 shipyard meetings is not usual from my experience, 17 and I think it's an indication that the Crowley crew 18 was not engaged with the repairs going on even though 19 the contract calls for them to manage execution, 20 monitor repairs and alterations, manage shipyard dry 21 docks, supervision of the work being performed. Part 22 of that is being engaged in meetings and discussions. 23 Q Well, is it your view that a safety 24 meeting -- if this danger had even occurred to 25 someone from Crowley, is the safety meeting their</p>

Gerald Nielsen

<p style="text-align: right;">Page 200</p> <p>1 only place where they can voice it?</p> <p>2 A No. It should have been brought up -- if</p> <p>3 noted, it should have been brought up without waiting</p> <p>4 for a daily safety meeting or a weekly -- in this</p> <p>5 case, the daily is the ones that I called out.</p> <p>6 Q So then is it fair to say that attendance</p> <p>7 at the safety meeting doesn't make a difference if</p> <p>8 they could have brought it up at any time?</p> <p>9 A I think it's a missed opportunity to hear</p> <p>10 what others are saying, because we've got no record</p> <p>11 as to whether or not anybody else did bring it up</p> <p>12 during any of the meetings. I have not seen</p> <p>13 documentation of the topics or the questions</p> <p>14 discussed. My point is, you know, at a higher level,</p> <p>15 the contract says to inspect, verify, oversee, and</p> <p>16 I'm not seeing indications of Crowley being engaged</p> <p>17 with the repair work going on.</p> <p>18 And that opinion is formed based on several</p> <p>19 different pieces of evidence that I've looked at.</p> <p>20 The depositions of the safety group where they said</p> <p>21 they rarely see or would often not see the Crowley</p> <p>22 folks onboard...</p> <p>23 Q Well, just to be clear, you do agree that</p> <p>24 if Crowley had perceived this danger, and I don't</p> <p>25 know that they did but had they perceived it, they</p>	<p style="text-align: right;">Page 202</p> <p>1 operation, and then that along with the industry</p> <p>2 practice of monitoring work being done by third</p> <p>3 parties, and the specific contract requirements that</p> <p>4 Crowley personnel inspect, oversee, review,</p> <p>5 whatever -- not whatever, while we're -- it is my</p> <p>6 opinion that they were not engaged with the</p> <p>7 inspections going on.</p> <p>8 If it was not their SMS, if it was the</p> <p>9 shipyard SMS, well, the requirements remain pretty</p> <p>10 much the same. The specific wording may be a little</p> <p>11 bit different in how they enforce it, but assessing</p> <p>12 identified risks including inspections and procedures</p> <p>13 to ensure safe operation, Crowley's responsibilities</p> <p>14 to ensure that they're aware of what's going on</p> <p>15 around them does not go away with that bridging</p> <p>16 document. The bridging document just helps to define</p> <p>17 whose specific forms we use. So they use the</p> <p>18 shipyard JSA form instead of the Crowley JSA form.</p> <p>19 It doesn't replace the higher level requirements that</p> <p>20 I list there.</p> <p>21 Q Okay. But you have no reason to dispute</p> <p>22 that the Crowley SMS was dormant and the shipyard was</p> <p>23 prevailing while the vessel was in dry dock.</p> <p>24 A I'm sure that based on that statement, that</p> <p>25 that's what the bridging document would reflect, so</p>
<p style="text-align: right;">Page 201</p> <p>1 could have voiced it anytime, anywhere.</p> <p>2 A It would have been appropriate for them to</p> <p>3 voice it immediately. Yes.</p> <p>4 Q Okay. And they don't have to wait or</p> <p>5 attend a safety meeting to do so.</p> <p>6 A No.</p> <p>7 Q And the fact is the shipyard was holding</p> <p>8 these safety meetings and did not make any changes as</p> <p>9 to how the davit arms were rigged.</p> <p>10 A That is correct.</p> <p>11 Q Okay. Your next line regards the Crowley</p> <p>12 Safety Management System. Are you aware that</p> <p>13 Crowley's SMS is dormant while the ship is in dry</p> <p>14 dock and the shipyard's SMS controls at that point?</p> <p>15 A I have not seen a bridging document that</p> <p>16 goes into the detail of whose system is in place</p> <p>17 during the shipyard period.</p> <p>18 Q I'm just wondering on what you based this,</p> <p>19 that Crowley should have had their own SMS in place</p> <p>20 at this time, if you haven't seen the documents.</p> <p>21 A The entire -- that entire section of the</p> <p>22 opinion talks about Crowley having an SMS, the</p> <p>23 requirements within that SMS, safe practices,</p> <p>24 compliance with rules, assessing identifying risks,</p> <p>25 includes instructions and procedures to ensure safe</p>	<p style="text-align: right;">Page 203</p> <p>1 no, I have no reason to dispute that.</p> <p>2 Q Okay. And do you have any evidence that</p> <p>3 the Crowley personnel were not attending interval</p> <p>4 inspections of completed work?</p> <p>5 A I cannot say that they were absent from the</p> <p>6 interim inspections or the final inspections. What I</p> <p>7 can say is, based on the deposition testimony that</p> <p>8 I've read, is that they were not engaged, they were</p> <p>9 not commonly seen, quite often not seen, by the</p> <p>10 Detyens safety staff when they were doing their</p> <p>11 rounds.</p> <p>12 Q You mean the cursory freelance rounds that</p> <p>13 you described earlier?</p> <p>14 A The freelance rounds that I described</p> <p>15 earlier.</p> <p>16 Q By the shipyard safety personnel.</p> <p>17 A Correct.</p> <p>18 Q And the ship's 900 feet long so if the</p> <p>19 safety officer is onboard for 20 or 30 minutes and</p> <p>20 walks around, it's very possible he would never see</p> <p>21 the port engineer because the port engineer might be</p> <p>22 on the flapsil or he might be in the engine room and</p> <p>23 the safety guy could be at the opposite end of the</p> <p>24 ship, correct?</p> <p>25 A That's correct.</p>

Gerald Nielsen

<p>Page 204</p> <p>1 Q All right. And so it doesn't sound 2 altogether conclusive that because these guys in 3 their sporadic and brief visits to the ship don't 4 happen to see a port engineer. That doesn't mean 5 he's not there and he's not engaged. Fair? 6 A Fair. I'm just, again, not trying to delay 7 an answer, just checking wording in the report. 8 Yes, I would agree with that statement. 9 Q Okay. You've not talked to the port 10 engineer, have you? 11 A No, sir. 12 Q And, in fact, the only transcripts you've 13 reviewed so far are the safety officers from the 14 shipyard and, perhaps, the project manager, Dallas 15 Verble. 16 A The depositions that I was provided to 17 review were shipyard personnel, I believe, 18 exclusively. 19 Q Okay. Because you have a lot of language 20 in here that Crowley was not engaged, they were not 21 inspecting the work, they were -- but it doesn't 22 sound like you have anything to base that on. 23 MR. YOUNG: I'm going to object to the form 24 of that, Ryan. 25 THE WITNESS: The documentation that I was</p>	<p>Page 206</p> <p>1 contractual requirements that there be 2 sufficient crew onboard to monitor, to inspect, 3 it's more -- the opinion is more than the port 4 engineer. It is the Crowley crew that is 5 onboard and living onboard the vessel for the 6 purpose of being able to review, monitor, 7 manage -- the different words that are used -- 8 the execution, the overhaul of the work being 9 performed. I have no indication in the 10 documentation that I reviewed that there was 11 engagement in the individual tasks. 12 BY MR. GILSENAN: 13 Q Do you have any evidence that they were not 14 engaged? 15 A The documentation that we have just 16 discussed, the depositions. 17 Q Of the safety officers. 18 A Correct. 19 Q And you admit their attendance onboard was 20 brief and sporadic. 21 A Correct. 22 Q And you're relying on that for the 23 assertion that Crowley did not discharge its 24 contractual obligations to properly crew the vessel 25 and for the crew to perform their duties?</p>
<p>Page 205</p> <p>1 provided, the deposition testimony, the JSA -- 2 and, again, I have one JSA to go by which is not 3 a good sampling for audit purposes. 4 Particularly or quite often, you know, the 5 JSA's are attended by other personnel, shipyard 6 owner, vendor. The JSA that I looked at and 7 the description of the use of the JSA's was 8 that that was not used as an opportunity for 9 more parties to be involved. The only 10 documents that I did receive and review that 11 mentioned Crowley personnel being engaged in an 12 inspection was for the initial review of the 13 lifeboat -- lifeboats and davits that was done 14 by the larger group in early January. 15 BY MR. GILSENAN: 16 Q Okay. So you've reviewed transcripts of 17 safety officers who made sporadic and brief visits to 18 the vessel, some of whom said they didn't always see 19 the port engineer, and that is the foundation on 20 which you rest your premise that the Crowley 21 personnel were not engaged, not performing 22 inspections, not supervising any work. 23 MR. YOUNG: Object to the form. 24 THE WITNESS: The opinion is not directed 25 solely at the Crowley port engineer. The</p>	<p>Page 207</p> <p>1 A The entire report goes to the fact that 2 there was a hazardous condition that was allowed to 3 exist for months. 4 And I agree with your statement that the 5 part of the hazard that was visible, the fact that it 6 was a single wire, the fact that it was wired or 7 rigged incorrectly, that was not the electric current 8 that caused the wire to part, but there was something 9 there that a trained eye, a chief mate, I would 10 assume the port engineer, the rigging department, the 11 safety people, should have identified, noted it, even 12 if it was on the generic JSA that was then used where 13 they could've said yes, we have stored energy, but 14 it's -- we have a wire on it, it's okay, there's at 15 least acknowledgment of it, then. I've got no 16 documentation, no testimony from either side saying 17 that there were detailed inspections, proper 18 inspections, being done by the people who should know 19 that this is a hazard, it's single retention and it's 20 done wrong. 21 Q Okay. I want to focus on this narrow 22 question, that there's quite a broad side at Crowley 23 and its port engineer and its crew that the vessel 24 was not properly crewed and the crew were not 25 discharging their duties with reasonable diligence.</p>

Gerald Nielsen

<p style="text-align: right;">Page 208</p> <p>1 And you base that -- I just want to make sure I'm</p> <p>2 clear -- on the deposition testimony of witnesses who</p> <p>3 you agree made brief and sporadic visits to the</p> <p>4 vessel.</p> <p>5 MR. YOUNG: Object to the form of that</p> <p>6 question, Ryan.</p> <p>7 BY MR. GILSENAN:</p> <p>8 Q Do I have that right?</p> <p>9 A The -- in that statement you made a</p> <p>10 statement that I am saying that it was not properly</p> <p>11 crewed. I have not made that statement so I want to</p> <p>12 make sure that --</p> <p>13 Q Okay. Let's take that off the table.</p> <p>14 A I just want to make sure that's out there.</p> <p>15 Q Okay. Good. We're now even further.</p> <p>16 A It --</p> <p>17 Q If I may, you testified in your report that</p> <p>18 the crew and the port engineer were inattentive to</p> <p>19 the work being done, to inspections, supervision of</p> <p>20 work, and so forth, and if you're basing it on</p> <p>21 anything else than the testimony of the safety</p> <p>22 officers who made brief and sporadic freelance visits</p> <p>23 to the vessel, I would just like to know what you're</p> <p>24 basing this on.</p> <p>25 A Okay. I am basing it on that information</p>	<p style="text-align: right;">Page 210</p> <p>1 properly because, as we've already talked about, the</p> <p>2 vessel was there, the davit arms were suspended for a</p> <p>3 period of five months. There is ample opportunity</p> <p>4 there for the shipyard personnel and the ship</p> <p>5 operator, the crew onboard that, again, is</p> <p>6 specifically contractually there to do everything</p> <p>7 that I've already repeated to the present, manage,</p> <p>8 monitor, execute, supervise all of that, I've got no</p> <p>9 indication that they were engaged. I do have an</p> <p>10 indication that they're not attending daily safety</p> <p>11 meetings which, to me, is not normal and customary.</p> <p>12 Those safety meetings are not supposed to be done</p> <p>13 in -- that's the opportunity for the sharing of</p> <p>14 knowledge and the transfer of information.</p> <p>15 Q I think I'll just leave it with it appears</p> <p>16 that you really don't have any evidence that they</p> <p>17 were being inattentive to the work being done.</p> <p>18 MR. YOUNG: And I'll just state an</p> <p>19 objection to that unless that was some negative</p> <p>20 question.</p> <p>21 BY MR. GILSENAN:</p> <p>22 Q Well, if you find some evidence that they</p> <p>23 were being inattentive other than these deposition</p> <p>24 transcripts, please let me know and maybe it will be</p> <p>25 in the supplemental.</p>
<p style="text-align: right;">Page 209</p> <p>1 and the lack of information on -- now, I don't have</p> <p>2 all of the interrogatories and the RFP's memorized in</p> <p>3 my mind, but I am confident that in there there are</p> <p>4 questions about inspections, review, oversight.</p> <p>5 I have nothing to nullify the information</p> <p>6 on one side that is indicating that we're not seeing</p> <p>7 the Crowley people when we go onboard, albeit for the</p> <p>8 brief walk-about. I've not seen anything -- I've not</p> <p>9 seen or been presented anything showing that there</p> <p>10 was inspection, review, oversight, management</p> <p>11 monitoring, sign-offs, supervision, I've got no</p> <p>12 indication of that; so I need to push back on your</p> <p>13 statement because it's partially what I have been</p> <p>14 able to read and partially the fact that I've not --</p> <p>15 even though it was requested, I have not received</p> <p>16 anything to counter it.</p> <p>17 Q So it sounds to me when presented with the</p> <p>18 transcripts of safety officers who were not onboard</p> <p>19 all day, who are not even onboard everyday, all of</p> <p>20 them, they go one at a time, you extrapolated that if</p> <p>21 this safety officer was onboard on this day and</p> <p>22 didn't see somebody, therefore, the Crowley crew and</p> <p>23 port engineer are not paying attention to the work</p> <p>24 going on.</p> <p>25 A I don't think that's characterizing it</p>	<p style="text-align: right;">Page 211</p> <p>1 Do you know why the plaintiff has not sued</p> <p>2 Palfinger?</p> <p>3 A I do not have any information about why he</p> <p>4 has or even if he has. I do not know.</p> <p>5 Q Okay. Do you agree that Palfinger should</p> <p>6 have appreciated the danger of the davit arm rolling</p> <p>7 down the track if the restraint came undone?</p> <p>8 A Yes.</p> <p>9 Q Okay. Do you think that they had a duty to</p> <p>10 warn of that?</p> <p>11 A If any person was aware of a direct hazard</p> <p>12 or imminent danger, they should be warning -- they</p> <p>13 should be passing the word up the line. They should</p> <p>14 get that information to the appropriate person. I</p> <p>15 don't know if the Palfinger person commented on it at</p> <p>16 all or completely ignored it. There's no -- I've got</p> <p>17 no indication either way whether he noticed it or</p> <p>18 didn't notice it.</p> <p>19 Q Well, you, to characterize generally,</p> <p>20 asserted that the Crowley crew was complacent for not</p> <p>21 pointing out a danger that had been suspended for</p> <p>22 five months.</p> <p>23 A Uh-huh.</p> <p>24 Q I'm just asking you, do you think Palfinger</p> <p>25 should have pointed it out?</p>

Gerald Nielsen

<p style="text-align: right;">Page 212</p> <p>1 A If they were aware of it, they should have.</p> <p>2 I do not know if they did or not and I don't know how</p> <p>3 often they were on after the initial inspection. I</p> <p>4 do not know if during that initial inspection they</p> <p>5 were moving the davit arms up and down to ensure that</p> <p>6 they could inspect all sides and areas. So there</p> <p>7 were unknowns there.</p> <p>8 But I do agree with you that that -- to the</p> <p>9 Palfinger inspector who was climbing all over them,</p> <p>10 if it was up by the single wire...</p> <p>11 Q You muted out there at the last sentence.</p> <p>12 If it was held up by a single wire, and</p> <p>13 then you went mute.</p> <p>14 A Can you hear me now?</p> <p>15 Q Now I've got you back.</p> <p>16 You said if it was held up by a single</p> <p>17 wire, and then it cut out.</p> <p>18 A Yeah. I just got a little screen thing</p> <p>19 across my computer that said the internet connection</p> <p>20 is unstable so -- can you still hear me?</p> <p>21 Q Yes.</p> <p>22 A Okay. If it was noticed and if it was</p> <p>23 being -- if the Palfinger person knew that it was</p> <p>24 being left there and that was the intended means,</p> <p>25 then yes, that is a hazard that should have been</p>	<p style="text-align: right;">Page 214</p> <p>1 overhaul of the davit than a ship's crew who tends to</p> <p>2 deal with them when they're in operation underway.</p> <p>3 A That's correct.</p> <p>4 Q Okay. So it sounds to me like of anyone in</p> <p>5 the position to know the dangers of this suspended</p> <p>6 load in an overhaul situation, it would be the</p> <p>7 rigging department at Detyens and Palfinger. Do you</p> <p>8 agree?</p> <p>9 MR. YOUNG: Object to the form of that</p> <p>10 question, Ryan.</p> <p>11 THE WITNESS: Both of those parties should</p> <p>12 have the knowledge, the training, the expertise,</p> <p>13 to identify that hazard. Other parties involved</p> <p>14 in the oversight, inspection, supervision, the</p> <p>15 other terms that we have been using, would also</p> <p>16 be in a position to -- should be in a position</p> <p>17 to identify a hazard like that, especially if</p> <p>18 the documentation and systems had been used in</p> <p>19 the manner in which they're intended.</p> <p>20 BY MR. GILSENAN:</p> <p>21 Q Sure. And my question was not who all</p> <p>22 possible should be able to identify it but who would</p> <p>23 be in the best position to know. Now, is it fair to</p> <p>24 say it would be the rigging department and Palfinger</p> <p>25 in this overhaul situation of the davits?</p>
<p style="text-align: right;">Page 213</p> <p>1 identified, should have been noted. Having said</p> <p>2 that, I don't know if the arms were up or down or</p> <p>3 being moved around when he was there.</p> <p>4 Q Well, I will represent to you the arms had</p> <p>5 been up since November.</p> <p>6 A Okay. And then the Palfinger inspector,</p> <p>7 with the report that he did, with the thoroughness of</p> <p>8 that, it would be hard to imagine or state that he</p> <p>9 didn't notice that it was a single retention</p> <p>10 situation held by a wire.</p> <p>11 Q So he should have warned.</p> <p>12 A He should have. I don't know if he did or</p> <p>13 not. I've got no indication that he did.</p> <p>14 Q Okay. Do you have any indication whether</p> <p>15 the chief mate did or not?</p> <p>16 A I do not.</p> <p>17 Q Okay. And you've testified before, earlier</p> <p>18 today, that you agree that Palfinger is the</p> <p>19 specialist on this piece of equipment.</p> <p>20 A Of the personnel that were there during the</p> <p>21 inspection, Palfinger was brought in as the OEM, as</p> <p>22 the body that is best suited to do the inspection and</p> <p>23 write a report. Yes.</p> <p>24 Q Okay. And certainly Palfinger would be</p> <p>25 more experienced with a major dismantling and</p>	<p style="text-align: right;">Page 215</p> <p>1 A I would agree to that. And I've got to go</p> <p>2 by your statement, that the arrangement was the same</p> <p>3 when Palfinger was there as when the incident</p> <p>4 occurred and I have pictures of it.</p> <p>5 MR. GILSENAN: Okay. All right.</p> <p>6 Could we take a break?</p> <p>7 - - -</p> <p>8 (Recess in the proceedings.)</p> <p>9 - - -</p> <p>10 MR. GILSENAN: I don't have any further</p> <p>11 questions, Mr. Nielsen. Thank you.</p> <p>12 THE WITNESS: Thank you, sir.</p> <p>13 - - -</p> <p>14 EXAMINATION</p> <p>15 - - -</p> <p>16 BY MR. HOOD:</p> <p>17 Q Mr. Nielsen, I want to -- so we were</p> <p>18 talking about the scope of what Palfinger was doing</p> <p>19 and I'm going to share my screen. I think you</p> <p>20 probably have this document. It's Bates stamped</p> <p>21 DSI 836. And you should see it there.</p> <p>22 A Yes.</p> <p>23 Q Okay. It has an estimated start date of</p> <p>24 December 10, 2018 after which -- by that point in</p> <p>25 time, the davits had been removed and they have a</p>